

1 RECORD OF ORAL HEARING


2 UNITED STATES PATENT AND TRADEMARK OFFICE

3  
4  
5  
6 BEFORE THE BOARD OF PATENT APPEALS  
7 AND INTERFERENCES  
8

9  
10 Ex parte TAKANORI NISHIMURA, KEIGO IHARA, TAKAO  
11 YOSHIMINE, JUNKO FUKUDA, and TAKAHIKO SUEYOSHI  
12

13  
14 Appeal 2007-1569  
15 Application 10/089,083  
16 Technology Center 2100  
17

18  
19 Oral Hearing Held: August 7, 2007  
20  
21



22  
23 Before ALLEN R. MacDONALD, JAY P. LUCAS, and  
24 JOHN A. JEFFERY, Administrative Patent Judges  
25

26 ON BEHALF OF THE APPELLANTS:  
27

28 ANDREW T. HARRY, ESQ.  
29 OBLON, SPIVAK, McCLELLAND,  
30 MAIER & NEUSTADT, P.C.  
31 1940 Duke Street  
32 Alexandria, VA 22314  
33

1           The above-entitled matter came on for hearing on Tuesday, August 7,  
2   2007, commencing at 09:00 a.m., at the U.S. Patent and Trademark Office,  
3   600 Dulany Street, 9th Floor, Alexandria, Virginia, before Jan Jablonsky,  
4   Notary Public.

5  
6           MR. HARRY: First of all, thank you for your time. The primary  
7   issue in this case surrounds or centered on the distinction between a  
8   client-based content push distribution system, which is ultimately what our  
9   claims are directed to. And it is directed to actually sending content from a  
10   user terminal to a distribution server and then broadcasting by that  
11   distribution server the content that was received from the user terminal  
12   apparatus.

13          So, there are a lot of other steps in claim 1, but the primary aspect of  
14   claim 1, I think, that is at issue is this content that is actually sent from the  
15   client device to the content distribution server and out to the clients.

16          And the primary reference that is relied upon to address that feature is  
17   Nakamura. So, I am going to focus on Nakamura with respect to the  
18   features for which it is asserted in this case. And Nakamura is a different  
19   system from what our claimed system is because it is more of a -- the  
20   content-based retrieval system.

21          So, think about a cable on demand system, maybe, where a server or a  
22   client, indicated by reference numeral 101 in Nakamura, might be a cable  
23   box and a client user base, which is the cable itself, or the cable interface  
24   itself, actually goes out to a server, requests specific content from that  
25   server, and then the server responds by setting up the distribution of that

1 content, or would be a video upon demand, which is then sent back to the  
2 user.

3 So, the fundamental difference between the two systems is that our  
4 client or user terminal actually sends the streaming data out to the  
5 distribution server, which then broadcasts it to a plurality of terminals, as  
6 opposed to Nakamura, in which the client device actually requests the  
7 download or the viewing of a specific piece of content from the server itself.

8 So, with that in mind, I am going to get into a more detailed  
9 description of the claim language itself. As I mentioned previously, the  
10 claims are directed to using or creating a streaming server system. And in  
11 that respect, the purpose or the advantages behind the claimed invention are  
12 directed to using alternative resources to set up this actual streaming service.

13 So, we've got a reservation control center in the first network, which is  
14 labeled as, or which is referred to as the internet now with reference numeral  
15 103. And we use those resources to reserve access to a distribution  
16 content -- or a distribution server, in order to send data from our client  
17 device, which is our user terminal, which is user PC reference numeral 106,  
18 via a different network.

19 So, by setting up this reservation through the internet using a different  
20 apparatus, that different apparatus being the reservation control center, it  
21 frees up the resources of the distribution server so that it is only dedicated  
22 actually to distribute content that it receives from various client devices.

23 This use of separate networks saves resources, obviously, for the  
24 distributing server because you don't have a bunch of different clients  
25 gaining access to the distributing server in order to get content.

1           So, claim 1 -- in claim 1 I am kind of going to step through the steps  
2           only for which Nakamura is applied because it is going to launch us into the  
3           meat of this argument and of the subject at hand.

4           So, independent claim 1 recites a method of reserving and accessing  
5           resources in a distribution server. That is streaming server 102 if you look at  
6           Figure 1.

7           It includes a requesting step of sending request information, including  
8           desired service time and some other information to use the content  
9           distribution from the user terminal apparatus, which is the user PC 106, to a  
10          reservation control apparatus, which is reservation control center 101, the  
11          first network. And that is via the internet, corresponds to reference 103 in  
12          our Figure 1 --

13          JUDGE JEFFERY: Excuse me, counsel. Just one question here.

14          MR. HARRY: Sure.

15          JUDGE JEFFERY: The Nakamura reference is basically using a  
16          single network to transmit the content.

17          MR. HARRY: Right.

18          JUDGE JEFFERY: And the invention is calling for two networks?

19          MR. HARRY: Correct.

20          JUDGE JEFFERY: Okay.

21          MR. HARRY: That is one of the issues at hand. I think with respect  
22          to what we've got on the record in that aspect, I think that says a lot of what I  
23          want to say, first of all.

24          But it is -- one of the more important, I guess, aspects of our invention  
25          is the fact that you are reserving these resources by using these networks, by  
26          which the first reserves the resources, and then actually, you know, use an

1 authenticated network to send the streaming video, to save bandwidth, so it  
2 can be sent out to the clients.

3 JUDGE LUCAS: But -- excuse me. Mr. Harry, right?

4 MR. HARRY: Right.

5 JUDGE LUCAS: You mentioned that Nakamura has a single  
6 network. Is that one of your points?

7 MR. HARRY: That is one of the points in the appeal brief. It is  
8 probably a more ancillary points of the ones that I am going to discuss here  
9 with regard to the distribution of the data.

10 JUDGE LUCAS: Okay.

11 MR. HARRY: But Nakamura has got the network 103, I believe, that  
12 performs the transmission between the client and the server 120.

13 So, the client is 101, the server is 120. The network in between the  
14 two --

15 JUDGE LUCAS: Right. The network 130 -- you don't have  
16 Nakamura in front of you, do you?

17 MR. HARRY: I can get it.

18 (Pause.)

19 MR. HARRY: Okay. Got it.

20 JUDGE LUCAS: Thank you. Dyslexia aside, I think you meant 130.

21 MR. HARRY: Correct.

22 JUDGE LUCAS: That's right.

23 MR. HARRY: Sorry.

24 JUDGE LUCAS: That's okay. I was reading through the Nakamura  
25 reference, and there is a very curious paragraph in column 1, about line 36 or  
26 37.

1 (Pause.)

2 MR. HARRY: Okay.

3 JUDGE LUCAS: It was curious because you didn't -- I noticed your  
4 name was on the brief. And it's a nicely written brief.

5 And you didn't seem to address the point of this paragraph. It says,  
6 "Network 130 comprises a heavy circuit for transmitting data streams from  
7 server 120 to each client and a thin line for transmitting control information  
8 from each client to server 120."

9 So, doesn't it appear to you that network 130 actually has a thick and a  
10 thin, meaning that a high capacity and a small capacity line in it?

11 MR. HARRY: I think the issue is more directed to the information  
12 that is actually transmitted over those networks. As I said, I think the more  
13 nuts and bolts of the arguments are what is actually sent from the client to  
14 the server and from the server to the client.

15 There --

16 JUDGE LUCAS: Okay. Perhaps -- I interrupted you and I apologize  
17 for that.

18 MR. HARRY: No problem.

19 JUDGE LUCAS: Continue on your flow.

20 MR. HARRY: Okay.

21 JUDGE LUCAS: And then, when you get to this point, you might  
22 want to address it.

23 MR. HARRY: Okay.

24 JUDGE LUCAS: Okay?

1 MR. HARRY: Thank you. Okay. So, continuing on with the claims.  
2 There is a storing step of writing and storing the authentication information,  
3 including the reservation information in the actual user terminal apparatus.

4 And to address those features, the Examiner's answer, at least citing  
5 specific portions of Nakamura, column 1, lines 43 through 45, and lines 55  
6 through 62, which describes how the client requests information from the  
7 content server and that the -- the client itself, reference numeral 101, is able  
8 to obtain reproduction schedule in response to that request to the server.

9 So, from this citation and the language used in the Examiner's answer,  
10 it is clear that the Examiner considers that the client in Nakamura's system,  
11 reference numeral 101, corresponds to our user terminal apparatus and that  
12 the server in Nakamura 120 corresponds to our distribution server.

13 The only problem with that is that the client device of Nakamura does  
14 not transmit content to the server which is then broadcast. Instead, it only  
15 transmits a request to receive content from the server itself.

16 And that's the main distinction from the last two portions of our  
17 claim -- the last two features of our claim that recite, "The features of  
18 transmitting content from the user terminal apparatus or the user PC to the  
19 distribution server via second network and broadcasting by the content  
20 distribution server, said content data received from said user terminal  
21 apparatus over the first network."

22 So, regardless over which networks they are transmitted, you've got  
23 this concept of receiving from the user terminal apparatus at the content  
24 distribution server, specific content, and rebroadcasting that content.

25 So, at least with respect to the way in which the Examiner's answer  
26 rejects the reservation requesting step and the storing step, the Examiner

1 seems to consider that the client in Nakamura is the user terminal apparatus.  
2 The client in Nakamura never transmits content data that is then rebroadcast  
3 via the content distribution terminal.

4 And in specifically addressing these features, in the Examiner's  
5 answer, at least with respect to transmission of the data stream from the  
6 client to the server, the Examiner's answer states that, "The Examiner also  
7 considers data stream transmitted from server interface unit and carried out  
8 by client as transmitting client from the user terminal apparatus to the  
9 distribution server."

10 JUDGE LUCAS: I'm sorry, where?

11 MR. HARRY: That is at -- that was at page 22 of the Examiner's  
12 answer.

13 And then the Examiner further asserts that, "The Examiner considers  
14 data transmitted from client 101 to server 120 as transmitting content from  
15 the user terminal apparatus to the distribution server."

16 So, I am not sure -- those are kind of contradictory thoughts. I am not  
17 sure -- it looks like the Examiner almost appears to assert that the server  
18 itself has become the client distribution terminal -- or the client itself. But  
19 then the Examiner states that he considers data transmitted from the client to  
20 the server as transmitting content from the user terminal apparatus to the  
21 distribution server.

22 But as I said previously, none of the data transmitted from the client is  
23 actually redistributed or rebroadcast or broadcast at all by the content  
24 distribution server itself. So --

25 JUDGE LUCAS: What is the nature of that data?

26 MR. HARRY: The data -- our data or their data?



1 JUDGE LUCAS: The data that you were just referring to, that you  
2 consider non-analogous.

3 MR. HARRY: Their data is requests for content. So, the  
4 content -- the data that is sent in Nakamura is, for example, a request for a  
5 reproduction schedule. So, think of -- maybe digital cable. Cable on  
6 demand, something like that.

7 So you are actually sending out a request for specific contents, or  
8 sending a request for a program listing of specific content. Something  
9 similar to that.

10 And the purpose for the language, which is kind of reiterated through  
11 the prosecution history in our appeal brief and our reply brief is that the  
12 content data that we transmit from the terminal apparatus and which  
13 is -- from the client terminal, from the user terminal apparatus to the content  
14 distribution server, is actually streaming video.

15 So it's the same -- they are streaming content that's sent from our user  
16 terminal apparatus to the distribution server that is sent out to the clients.  
17 So, that's how we rely -- those last two features rely on an antecedent basis  
18 for the same content data.

19 JUDGE LUCAS: Okay. And you have defined content somewhere in  
20 the spec?

21 MR. HARRY: Yes. I think -- though the spec clearly describes  
22 the -- amongst other embodiments, that it can be a content stream. But the  
23 fact is that it's the same content.

24 So you've got -- one of the embodiments is a user at a PC, you know,  
25 with a digital camera attached to the PC. That user reserves a specific time

1 slot in this distribution server and then the user is able to record what they  
2 are doing in real time. That is sent to the distribution server.

3 And then different clients are able to access that distribution server in  
4 order to see that live stream video.

5 JUDGE LUCAS: Okay.

6 MR. HARRY: And then that's -- I think that is -- the main distinction  
7 between our invention and Nakamura is that the client device in Nakamura  
8 does not perform that function.

9 JUDGE LUCAS: The rejection was a 103.

10 MR. HARRY: Correct.

11 JUDGE LUCAS: Is there any way that Wiser supplements or provide  
12 sufficient teaching?

13 MR. HARRY: I don't believe that it does. This was mentioned in the  
14 appeal brief. Wiser is a similar type of system. It is related to the download  
15 of content, not to the upload of content. It doesn't have the combination of,  
16 you know, reserving and then sending -- reserving the resources and sending  
17 the content to the distribution server and then relying on the distribution  
18 server to also send that content down.

19 And then I guess the final point is, when the -- the Examiner's answer  
20 specifically addresses the step of broadcasting content that was received at  
21 the distribution server from the user terminal. It says that, "The Examiner  
22 considers data received by priority clients from server" -- and, again, this is  
23 page 22 from the Examiner's Answer -- "asserts that the Examiner considers  
24 data received by priority clients from server as broadcasting by the content  
25 distribution server, said content data received from the user terminal  
26 apparatus over said first network."

1           So, again, that goes back to the idea that -- in Nakamura that same  
2 content that was transmitted from the client to the server, to the extent that it  
3 can be considered content, is not broadcast by the server device of  
4 Nakamura.

5           JUDGE MacDONALD: So the function is not performed by the prior  
6 art?

7           MR. HARRY: Correct. And I think with respect to the motivation  
8 arguments -- I think we are going to stand on what we've got in the appeal  
9 brief in that regard.

10          And I think that's all I've got, unless you have any further questions.

11          JUDGE MacDONALD: Questions?

12          JUDGE LUCAS: No. Thank you.

13          MR. HARRY: Thank you.

14          (Whereupon, at 9:20 a.m., the hearing was concluded.)  
15  
16